

SUPERIOR COURT OF THE STATE OF CALIFORNIA
IN AND FOR THE COUNTY OF EL DORADO

--o0o--

MICHAEL AVENI and ANNE)
HUNT,)
)
Plaintiffs,)

vs.

No. PC 20120594

VINCENT MINI; and DOES 1)
through 30,)
)
Defendants.)

--o0o--

Deposition of
E. FLETCHER EYSTER, M.D.
Tuesday, March 31, 2015

--o0o--

L.J. HART & ASSOCIATES, INC.
BARRON & RICH

Certified Shorthand Reporters

Reported by: KAREN FORSTER, CSR License 8691, RPR

A P P E A R A N C E S

1
2
3
4 For the Plaintiff, MICHAEL AVENI:
5 ROSENTHAL & KREEGER, LLP
6 By: S. DAVID ROSENTHAL, Attorney at Law
7 2251 Douglas Boulevard, Suite 120
8 Roseville, California 95661
9 916.774.7200

10 For the Plaintiff, ANNE HUNT:
11 SHEPARD & HAVEN, LLP
12 By: RONALD R. HAVEN, Attorney at Law
13 3600 American River Drive, Suite 120
14 Sacramento, California 95864
15 916.487.7900

16 For the Defendant:
17 NEVIN WIESNER
18 By: KEITH R. PAWLOSKI, Attorney at Law
19 3100 Zinfandel Drive, Suite 350
20 Rancho Cordova, California 95670
21 916.503.2777

22
23
24
25
--o0o

I N D E X

1			
2	Examination by:		Page
3	Mr. Rosenthal		4
4	Mr. Haven		58

--oOo--

E X H I B I T S

7	Plaintiffs'		
8	Exhibit No.	Description	Page
9			
0	Exhibit A	Printout of Neurospine Institute Medical group Web pages, 8 pages.	24
1			
2	Exhibit B	Curriculum Vitae of Dr. Eyster, 4 pages.	24
3	Exhibit C	Invoice For Services, #14485, 1 page.	25
4			
5	Exhibit D	Invoice For Services, #14509, 1 page.	25
6	Exhibit E	Schedule of Fees, 1 page.	29
7	Exhibit F	E-mail communications from Dr. Eyster's file, 10 pages.	29
8			
9	Exhibit G	Independent Medical Examination, dated 3/10/15, 11 pages.	56
0			
1	Exhibit H	Record Review Report, 2 pages.	56
2	Exhibit I	Independent Medical Examination, dated 3/18/15, 11 pages.	56
3			
4			
5			

1 BE IT REMEMBERED, that on Tuesday, the 31st
2 day of March, 2015, commencing at the hour of 1:33 p.m.
3 thereof, at Medical Evaluation Services, 87 Scripps
4 Drive, Suite 116, Sacramento, California, before me,
5 Karen Forster, a Certified Shorthand Reporter, in and
6 for the County of Sacramento, State of California, there
7 personally appeared

8 E. FLETCHER EYSTER, M.D.,
9 herein called as an expert witness by the Plaintiff,
10 who, being by me first duly sworn, was thereupon
11 examined and interrogated as hereinafter set forth.

12 EXAMINATION

13 By: S. DAVID ROSENTHAL, Attorney at Law, counsel on
14 behalf of the Plaintiff, MICHAEL AVENI:

15 Q Will you state your full name for the record.

16 A Edward Fletcher Eyster.

17 Q All right. Doctor, do you live -- you
18 currently live in Healdsburg?

19 A Pardon me?

20 Q Do you live in Napa County, sir?

21 A Yes.

22 Q Where is that?

23 A Napa County?

24 Q Yes. No. I know where Napa County is. Where
25 in Napa County?

1 A St. Helena.

2 Q St. Helena. Okay. I'm sorry. I'm not sure
3 where I came up with Healdsburg. That might be Sonoma
4 County, huh?

5 Anyway, your practice, sir, is in
6 San Francisco; is that true?

7 A Correct.

8 Q Is that called the Neurospine Institute
9 Medical Group?

10 A Correct.

11 Q Is your partner Bruce McCormack?

12 A Correct.

13 Q How long have you guys been together?

14 A Twelve years.

15 Q And you have a Website that's called neuro --
16 I think it's neurospine.org; is that correct?

17 A That's -- yes.

18 Q Okay. Is the information contained on that
19 Website accurate, sir?

20 A I haven't looked at it for years. It's mostly
21 McCormack wrote it.

22 Q Okay. When did you first start doing
23 medical-legal work?

24 A Well, I've always done it. I'd practiced in
25 Florida for 23 years. I was expert for the Department

1 of Professional Care in Florida, the Florida Board of
2 Medicine. Florida, as I'm sure you've heard, is a very
3 litigious state so I gave thousands of depositions,
4 mostly as a treater. I did very few expert cases there,
5 mostly for the University of Florida as a neurosurgical
6 expert.

7 Q Okay. And what kind of litigation was that?

8 A Well, they were standard of care issues for
9 the Department of Neurosurgery at the University of
10 Florida.

11 Q All right. So, if I understand then, those
12 were medical malpractice cases?

13 A Correct.

14 Q And, typically, you were retained as an expert
15 for the defense in the medical malpractice cases?

16 A Correct.

17 Q And you did that over the course of 23 years
18 when you were in Florida?

19 A Right. And I did some expert cases for the
20 plaintiff, also. Most of those were children of
21 attorneys that were involved in motor vehicle accidents.

22 Q Okay. So, when you say you did some cases for
23 the plaintiffs, you're talking about in civil cases, --

24 A That's right.

25 Q -- not medical malpractice case?

1 A Not medical malpractice.

2 Q Okay. And so are you saying that you only did
3 a few of those?

4 A Yes. I mean, I -- at that time, my clinical
5 practice was around 3 or 400 cases a year so I had very
6 little time. Surgical cases a year.

7 Q All right. When you say "surgical cases,"
8 you're a neurosurgeon; is that right?

9 A Correct.

10 Q Do you focus on the spine?

11 A I mean, where -- I mean, up until about 15
12 years ago neurosurgery did all spine work.

13 Q Do you treat other areas of the body?

14 A Yeah. I mean, I would do 40 or 50 brain
15 tumors a year. I had probably 15, 20 percent of my
16 practice was acute trauma. I would -- anything from
17 gunshots to motor vehicle accidents with intracranial
18 penetration. We did a lot of spine work, trauma, acute
19 dislocation, a few paralysis. We used to say 80 percent
20 of our nighttime work was trauma. And we were -- back
21 then, you were required to be on trauma call if you had
22 acute care privileges in a hospital. That's changed
23 these days.

24 Q Okay. So, when you say "acute trauma," you're
25 talking about being on call as a surgeon in the

1 emergency room?

2 A Yes. We were Level I and then Level II trauma
3 center; so you had to be there.

4 Q All right. And then when did you move to
5 San Francisco?

6 A 1996.

7 Q Was that specifically to start the practice
8 with Dr. McCormack?

9 A No. I had had a medical event, cardiac, and I
10 had a complication and lost a leg and thought my
11 neurosurgical career was ended, and I trained in
12 San Francisco, and Dr. Wilson, who is chairman of the
13 department, brought me out and sort of rehabilitated. I
14 started working at the university pro bono and then full
15 time and then transferred to Dr. McCormack.

16 Q All right. In what period of time was it you
17 went through that process that you just described where
18 you came to San Francisco?

19 A '93 to '96.

20 Q Okay. And then you started with McCormack
21 when again?

22 A 2001, I think.

23 Q So, in between '96 and 2001, where were you --
24 what were you doing?

25 A I was on staff at UCSF.

1 Q All right. And, your injury, has that -- has
2 that resulted in a continuing interference with your
3 ability to perform surgery?

4 A Yes. I mean, I am an amputee, and
5 neurosurgery is a full-body sport. It requires both
6 legs and both arms because we have a lot of hand
7 equipment as well as foot equipment to operate.
8 Microscope, cautery, ultrasound - a lot of the
9 instrumentation is controlled with foot pedals, and I
10 need one foot to stand on and could not do that, and so
11 I restricted myself since that event to only doing
12 assistant surgery.

13 Q All right. And, approximately, how many
14 surgeries are you participating in currently as an
15 assistant surgeon?

16 A At the present time, I'm limiting to probably,
17 starting this year, to surgery once a month, four to
18 five cases.

19 Q Okay. And I'm -- just to clarify, so when you
20 say one time a month, you mean you're doing four to five
21 different --

22 A Procedures.

23 Q -- surgeries?

24 A During that one day.

25 Q Okay. All right. Have you ever performed a

1 surgery utilizing an artificial disc?

2 A Not as a primary.

3 Q Did artificial discs start being used at some
4 point after your injury and after you started being an
5 assistant surgeon?

6 A They started being used in Europe, and Germany
7 primarily, in the late 1990s. They were finally
8 certified for use in the U.S. around, I think, 2004 or
9 '5, FDA approved. And they're still only approved for
10 one level, but that's changing.

11 Q When you say that's changing, you expect that
12 it will be certified by the FDA for use at multiple
13 levels?

14 A Well, the artificial disc, for most of us, has
15 been a huge disappointment. Almost nobody does the
16 artificial disc lumbar anymore because the results have
17 been not up to speed. It's hoped in the cervical area
18 that its advantage would be to prevent adjacent-level
19 disease, which occurs 30 percent per year after an
20 anterior fusion. So far, that hope has not been
21 realized. The results, essentially, are the same.

22 Q So --

23 A That's why most people that want to do it
24 can't get certification through the Blues or major
25 insurance carriers.

1 Q I want to make sure I understand. So you
2 mean -- the adjacent-level issue that you're talking
3 about, are you referring to lumbar spine?

4 Is that the reason, it's not efficacious in
5 the lumbar spine, or are you referring to the cervical
6 spine?

7 A The cervical.

8 Q Generally speaking, the artificial discs
9 aren't real good for use in a lumbar spine?

10 A Well, they're still -- I mean, they are still
11 FDA approved. It's just the bloom is off that rose. I
12 mean, there's very few people that believe that. I
13 mean, we hoped it, but right now the current state of
14 the art is that they're not satisfactory.

15 Q They are efficacious for use in the cervical
16 spine?

17 A They're still being done, but the enthusiasm
18 that was originally there 10 years ago has waned. We
19 still believe in the concept, but, so far,
20 statistically, the results are not what we wish.

21 Q And what you mean by that is that the
22 principal advantage is that it would avoid the
23 adjacent-level syndrome that exists when you do a
24 fusion; is that correct?

25 A That's correct.

1 Q And you're saying that over the last 10 years
2 we've learned that the artificial discs do not have that
3 benefit?

4 A Well, that's -- that's the general trend is a
5 guy, Dr. Mummaneni at UCSF that's head of the national
6 cooperative effort of looking at that, and he lectures
7 and has written extensively on it, and I've just heard
8 him speak. I hear him speak, you know, several times a
9 year and know him personally, and he still believes in
10 the concept; but, so far, he can't prove statistically
11 that there is an advantage.

12 Q Is there an authoritative study that you have
13 in mind when you talk about that conclusion regarding --

14 A No. I would refer to his opinion. I mean,
15 he's probably the most -- has written more about it than
16 anybody else in the U.S.

17 MR. HAVEN: What's his name?

18 THE WITNESS: Praveen Mummaneni.

19 MR. HAVEN: Mummaneni?

20 THE WITNESS: Mummaneni. I can't pronounce
21 it; but, if you go to the neurosurgical Website at UCSF,
22 he's head of the cervical spine section.

23 MR. ROSENTHAL Q: Okay. Are you aware of
24 specific literature published by Dr. Mummaneni that
25 addresses this issue?

1 A No. I've heard him talk dozens of times.

2 Q So is it mostly lectures and your personal
3 interaction with him; is that fair to say?

4 A Well, I mean, he has published extensively,
5 and there is a national cooperative study that he's
6 involved in, but I can't -- I don't rely on a single
7 source. My fund of knowledge includes his lectures, my
8 reading, and going to numerous meetings and talking to
9 other people that try to use it, and most of them
10 have -- they'll still do it if it's requested, but it's
11 not usually people's first choice.

12 Q All right. And then, in terms of the number
13 of artificial disc procedures that you participate in,
14 is there any way for you to estimate that?

15 A Oh, very few. Three or four. Just enough to
16 really get a concept of how difficult it is to do.

17 Q Were those surgeries performed by
18 Dr. McCormack?

19 A Yes.

20 Q Does Dr. McCormack -- any idea how many
21 artificial disc procedures Dr. McCormack has performed?

22 A No. I don't know for sure.

23 Q Okay. Did he ever get into a situation where
24 he was recommending or using the artificial disc
25 procedure?

1 A Well, I mean, a lot of people try to go
2 through utilization review. In this case, Dr. Orisek
3 does the procedure, but he doesn't go by utilization
4 review because he does them usually on a lien basis, in
5 my experience, and you don't need authorization for
6 that. So I think he's probably done more than anybody
7 in California, I suspect.

8 Q Are you talking about Dr. Orisek?

9 A Yeah.

10 Q What do you know about Dr. Orisek?

11 How are you able to state that?

12 A Well, I've just seen -- I've read his reports
13 for the last several years, and I think he's said that
14 he's done hundreds of them.

15 Q I mean, have you done any kind of research to
16 decide if there are other surgeons that have performed
17 hundreds of artificial disc procedures as well?

18 A No. There's nobody that's done that, I don't
19 think.

20 Q How do you know that?

21 A Well, I mean, just 99 percent of the time if
22 you put a request it's going to be denied by Blue Cross
23 or whoever the funding authority is.

24 Q Is that based on your experience?

25 A Yes, and others. Listening to others talk,

1 it's very difficult to get authorization.

2 Q Any criticism as to the qualifications of
3 Dr. Orisek?

4 A No.

5 Q Any criticism of the competence of Dr. Orisek?

6 A No.

7 Q Any opinions about Dr. Orisek's utilization of
8 the -- or the efficaciousness or non-efficaciousness of
9 Dr. Orisek's utilization of the cervical disc procedure?

10 A In opposition, I'm asked to review a lot of
11 different records, and so I've personally probably
12 reviewed several dozen of his cases, and I see that he
13 often recommends it based on his interpretation of the
14 MRI. I personally have had a hard time seeing what he
15 sees on the MRI, and that's why I don't want to argue
16 with him. We're both spine surgeons. So I say I will
17 defer to a board certified neuroradiologist like at
18 UC Davis or CPMC or UC San Francisco. And I've been
19 looking at MRIs since they first came out, you know, 30
20 years ago, but I would defer my opinion to a board
21 certified neuroradiologist on what I see.

22 Q Okay. Is that your custom and practice to
23 consult board certified neuroradiologists in all of your
24 cases?

25 A I would often do that, yes.

1 Q So you, typically, don't interpret the MRIs
2 yourself?

3 A No, no. I insist on looking at the films
4 myself.

5 Q And then you, typically, take that film after
6 you've reviewed it and take it to neuro -- a board
7 certified neuroradiologist for their opinion concerning
8 the film?

9 A Well, in our practice, it's usually a board
10 certified neuroradiologist that's done the
11 interpretation.

12 Q Is that the custom and practice, also, for
13 Dr. McCormack?

14 A Well, it's just the -- I guess how fortunate
15 we are in San Francisco that the neuroradiologists we
16 use primarily is Dr. Barakos at CPMC, and I've attended
17 lots of his lectures. He has conferences once a week.

18 Q Who is that? Doctor who?

19 A Jerry Barakos.

20 Q Does Dr. Barakos do medical-legal work?

21 A I think he does, yes.

22 Q And, in this case, have you reviewed any
23 information provided by Arthur Dublin?

24 A By who?

25 Q Arthur Dublin?

1 A Yes.

2 Q And what have you reviewed?

3 A His interpretation of the radiological studies
4 involved in these two cases.

5 Q Did he give you an interpretation that was
6 different than an interpretation that you made?

7 A No. They were the same.

8 Q Have you ever worked with Arthur Dublin
9 before?

10 A I don't know him personally, no. I just know
11 his -- he's at UC Davis.

12 Q Okay. Did you -- have you ever worked with
13 Arthur Dublin in a medical-legal context before this
14 case?

15 A Yes. I mean, on several cases I've asked
16 people to -- when there's a variance of opinion that
17 somebody says there's acute disc, and I can't see it, it
18 looks like a degenerative process, I'll say, "Let's get
19 somebody that's the authority to decide. You don't have
20 to take my opinion."

21 Q Okay. So, in this particular case, you looked
22 at the MRI film, sir?

23 A I look at the -- I don't render a report
24 complete without looking at the imaging.

25 Q Okay. And so, with regard to Michael Aveni,

1 specifically, did you look at the MRI films?

2 A Yes, I did.

3 Q And there was actually two MRIs; correct?

4 A Yes. I looked at both of them.

5 Q Okay. And, when you reviewed the MRI films,
6 did you reach any opinion as to whether the findings
7 were acute versus degenerative?

8 A Yes. I felt they were degenerative.

9 Q Okay. What is it that you felt you needed
10 Arthur Dublin or any board certified radiologist to tell
11 you?

12 A Repeat the question.

13 Q Sure. If you were able to look at the disc --
14 I thought you said that you would defer to a board
15 certified neuroradiologist, and, I'm wondering, if you
16 were able to look at the films yourself and determine it
17 was degenerative versus acute, why did you need a
18 neuroradiologist to review the films?

19 A Well, because there was going to be a dispute.
20 I saw that Dr. Orisek thought they were acute changes,
21 and I disagreed with that, and I said, "Why take one
22 spine surgeon against the other? Get the expert."

23 Q So the only context in which you've actually
24 worked with Dr. Dublin has been in medical-legal
25 context; true?

1 A That's right. These have been about three or
2 four cases that have arisen in this environment that
3 I've -- you know, they knew Dr. Dublin. I don't. So I
4 said, "I don't care who he is as long as he's board
5 certified and competent."

6 Q All right. You didn't ask -- for instance,
7 you've worked with Dr. Barakos many times; true?

8 A Yes, I have.

9 Q Did you ask for his involvement in this case?

10 A No. I mean, I suggested his name, I think,
11 for whoever wants to use it; but the requirement is that
12 the reader be a board certified neuroradiologist.

13 Q Okay.

14 A You're well aware that 80 percent of the MRIs
15 are not read by neuroradiologists.

16 Q And so you -- did you suggest to Mr. Pawloski
17 that he run this particular matter by Dr. Barakos?

18 A No. I just said a board certified
19 neuroradiologist.

20 Q All right. And I want to get back to you
21 mentioned, in this context, when you talk about the
22 medical-legal context is a little bit different than,
23 you know, when you're actually providing care to
24 patients; is that fair to say?

25 A Correct.

1 Q Okay. You're not Dr. Aveni's treating
2 physician; correct?

3 A Correct.

4 Q All right. And you took the Hippocratic Oath
5 when you got out of medical school; right?

6 A Yes.

7 Q And that says, basically, that you act in the
8 best interest of your patient; right?

9 A Correct.

10 Q Okay. And that Hippocratic Oath doesn't apply
11 to Dr. Aveni in your -- in this situation; right?

12 A Well, it does to me. I still try to make
13 medical recommendation based on what's the best interest
14 of the -- whether he's a plaintiff, defendant, or what,
15 I mean, I'm seeing the patient, looking at the facts,
16 and trying to come up with a best treatment
17 recommendation regardless of the situation.

18 Q Okay. But your motivation here, sir, and your
19 involvement in this case is not to treat the patient;
20 right?

21 A My motivation is not to say what the defense
22 wants or what the plaintiff wants. My motivation is to
23 look at the facts and render a medical opinion about
24 what's best for this patient, and so that doesn't vary
25 if I -- I saw 20 patients last Thursday. The same

1 motivation of each patient: What are the facts?, What
2 are the findings?, and What does this patient need?
3 That's all I do with these except that I spent a lot of
4 time looking at past history, because I found that
5 sometimes the historical information that you get as an
6 acute treater is not accurate. When we have 45 minutes
7 to see a new patient, we don't have time to look at a
8 pile of medical records about past history, and that's
9 why I feel the difference between the treating testimony
10 and an expert testimony is that a treater can't expect
11 to address causation because he is not familiar with the
12 stack of medical records that we go through.

13 Q Okay. What medical records did you have that
14 Dr. Orisek did not have?

15 A Well, I think on Aveni there was no
16 significant past medical records. If you're talking
17 about the other patient, I mean, here 8 or 9 inches of
18 medical records that then Dr. Orisek was not aware of.

19 Q Okay. So I want to -- I represent Michael
20 Aveni. Mr. Haven represents Anne Hunt.

21 A Okay.

22 Q So at least, unless I indicate otherwise, I'm
23 talking about Michael Aveni. Okay?

24 A Okay. I have no idea who you are. You didn't
25 introduce yourself. You could be the dogcatcher for all

1 I know.

2 Q Okay. Well, that might be in another life
3 maybe; but, in this life, I represent -- I apologize for
4 that. I should have introduced myself. I'm Dave
5 Rosenthal, and I represent Michael Aveni. Okay?

6 A Okay. Thank you.

7 Q So I want to get back to the original
8 question, which is what records do you have regarding
9 Michael Aveni that Dr. Orisek does not have?

10 A I don't think there's any significant past
11 medical records for Dr. Aveni regarding his cervical
12 spine.

13 Q Okay. So, in terms of the advantage that you
14 would traditionally have or that you have in most cases
15 over a treating doctor, you don't feel you have that in
16 Dr. Aveni's case over Dr. Orisek; correct?

17 A No. I mean, there's nothing in the past to
18 impugn prior symptomatic cervical disc disease in
19 Dr. Aveni.

20 Q Okay. As far as you -- based on your review
21 of the information, including Dr. Aveni's deposition,
22 Dr. Aveni had no prior neck pain?

23 A Correct.

24 Q No prior upper back or shoulder pain?

25 A Correct.

1 Q And no -- no extremity pain?

2 A Correct.

3 Q Okay. Now, in terms of the pain that he had
4 following the motor vehicle collision, what do you
5 believe to be the cause of that pain?

6 A I think that he was symptomatic from the motor
7 vehicle collision; that it would be classified of an
8 aggravation of a preexisting asymptomatic condition.

9 Q Okay. And, when you say "aggravation of
10 preexisting asymptomatic condition," are you referring
11 to some type of processes going on in the spine?

12 A Yes. He had some mild degenerative changes.

13 Q Okay. Where --

14 A Actually, they were -- I mean, at 59, he was
15 very lucky. It's usually much worse.

16 Q One of the things I saw on your Website,
17 between ages 30 and 50, people are more susceptible to
18 disc herniation; is that fair to say?

19 A I'm not sure I would agree with that, but
20 people develop degenerative changes in asymptomatic
21 people. I mean, we've taken now hundreds of patients or
22 volunteers and done MRI scans on them, and, by the time
23 you're in your early forties, 43, almost 70 percent of
24 the people will have at least as much as he had on his
25 MRI.

1 MR. ROSENTHAL: All right. Could you have
2 that marked as Exhibit A, please.

3 (Whereupon Plaintiffs' Exhibit A
4 was marked for identification.)

5 MR. ROSENTHAL Q: By the way, Doctor, do you
6 have a C.V. with you?

7 A Yes.

8 MR. ROSENTHAL: Can I see that? All right.
9 And I'm going to have this marked as Exhibit B.

10 (Whereupon Plaintiffs' Exhibit B
11 was marked for identification.)

12 MR. ROSENTHAL Q: Is this an accurate and
13 up-to-date C.V.?

14 I'm sorry?

15 A Yes.

16 Q Okay. Just a slight pause after I'm done, as
17 you know.

18 Okay. You've handed me a document dated March
19 13th, 2005. It looks like an invoice for services; is
20 that correct?

21 A Correct.

22 Q All right.

23 MR. PAWLOSKI: Did you say 2005 or 2015?

24 MR. ROSENTHAL: I'm sorry. 2015.

25 MR. PAWLOSKI: Okay.

1 MR. ROSENTHAL: I have a problem with
2 transposing numbers. I apologize.

3 Q Is this -- does this contain a summary of all
4 the work that you've done to date?

5 A I think so, yeah.

6 MR. PAWLOSKI: On just Mr. Aveni, or is that
7 for -- I don't know. Can I see?

8 MR. ROSENTHAL: Well, it does -- good
9 clarification.

10 Q Is this only with regard to Dr. Aveni?

11 A Yeah. Hunt is here.

12 MR. ROSENTHAL: Since we're doing it, would
13 you mind handing me Miss Hunt as well.

14 All right. So this would be Exhibit C.

15 (Whereupon Plaintiffs' Exhibit C
16 was marked for identification.)

17 MR. ROSENTHAL: And then, with regard to Hunt,
18 we'll make it D.

19 (Whereupon Plaintiffs' Exhibit D was
20 marked for identification.)

21 MR. ROSENTHAL Q: So, with regard to this
22 invoice date of March 13th, 2015, this is Exhibit C.
23 This is relating to Michael Aveni; correct?

24 A Correct.

25 Q All right. And what, typically, -- your

1 examination, you charge \$850 an hour for that?

2 A Yes.

3 Q All right. And you spent an hour with your
4 exam with Dr. Aveni?

5 A Yes.

6 Q And it talks about travel. So what do you
7 charge per hour for travel?

8 A Two fifty.

9 Q Okay. And then you had to come to
10 Sacramento -- or where was the examination?

11 A Here.

12 Q Okay. So you charge for your travel to and
13 from Sacramento?

14 A To what?

15 Q To and from Sacramento?

16 A Yes.

17 Q They have good surgeons, generally speaking,
18 in the Sacramento area, sir?

19 A Are there what?

20 Q Are there, generally, some good spine surgeons
21 in the Sacramento area?

22 A Yes. As a general rule, yes.

23 Q Okay. Are you personally aware of some good
24 surgeons here?

25 A Yeah. I mean, there's some excellent

1 surgeons. Several of them are members of the faculty at
2 UCSF.

3 Q All right. And then it says, "Review of
4 Records and Deposition Transcripts," and how much is
5 that per hour?

6 A Here is the fee schedule.

7 Q Okay. Do you know offhand the rate per hour?

8 A Eight fifty.

9 Q Okay. And so what's indicated here would have
10 been the total up through February 25th, 2015?

11 A Correct.

12 Q All right. And then, preparation of your
13 report, one and a half hours at 1,275, is that the same
14 rate, eight fifty an hour?

15 A Right.

16 Q Okay. And then you're charging what for your
17 deposition today?

18 That would be a thousand dollars?

19 A Per hour.

20 Q Okay. And then your travel time of course
21 here today from San Francisco -- from San Francisco or
22 from Napa?

23 A San Francisco, my office.

24 Q All right. So it's going to be another,
25 basically, probably about the same one and a half hours?

1 A Yeah.

2 Q Or would that be three hours?

3 A No. It's probably two hours.

4 Q Okay. All right. And then --

5 A That's about the time I made it up today.

6 Q All right. And then I'm just wondering if
7 there's any additional work that you did for preparation
8 of this deposition that's not shown on this invoice?

9 A Usually, there's an hour prep getting -- going
10 back over this getting ready for the deposition.

11 Q Okay. And then, in terms of trial, sir, what
12 do you charge at trial?

13 A Five thousand a half day.

14 Q Okay. All right. And then we've marked
15 Exhibit D. This is, essentially, the summary of the
16 charges with regard to Anne Hunt; true?

17 A Correct.

18 Q All right. I mean, that was separate and
19 apart -- the work is separate; right?

20 A Correct.

21 Q Okay. In terms of the travel time, did you
22 split that?

23 Is that what happened there?

24 A It should have been split, yes.

25 Q Okay. So you did -- it took you a total of

1 three hours to drive roundtrip on February 25th, and you
2 charged half to Anne Hunt and half to Dr. Aveni; is that
3 fair to say?

4 A Yes.

5 (Whereupon Plaintiffs' Exhibit E
6 was marked for identification.)

7 MR. ROSENTHAL Q: All right. And then,
8 Exhibit E then, is that your fee schedule?

9 A That's correct.

10 Q And that's up-to-date?

11 A Correct.

12 Q Now, you work -- the work that you're doing
13 with Mr. Pawloski, when were you first contacted?

14 A I think that's in there. There's some
15 e-mails.

16 MR. PAWLOSKI: These exhibits, are you
17 finished with them? Because I want to give them back to
18 the reporter just so we don't get them mixed up.

19 MR. ROSENTHAL: All right. And this would be
20 next in order.

21 (Whereupon Plaintiffs' Exhibit F was
22 marked for identification.)

23 MR. ROSENTHAL Q: Okay. Are these all the
24 e-mail communications you have in your file, sir?

25 A Correct.

1 Q Then it looks like the first e-mail contact
2 was on January 22nd, 2015; is that correct?

3 A Correct.

4 Q And who is Lisa Wilson?

5 A She's the contact person here at Liberty.

6 Q Okay. Liberty Medical Consultants, is that
7 what this is?

8 A Yes.

9 Q Okay. And they provide, essentially, lawyers
10 with the opportunity to have -- well, strike that.

11 They connect lawyers in litigation with
12 doctors; is that fair to say?

13 A Yeah. They're the administrative arm of what
14 we do. They handle the gathering of records, initial
15 correspondence, and collating the records from a
16 chronological order, dictation of the reports,
17 transcription services, billing, the scheduling of
18 depositions, trials. Everything administratively required
19 regarding this, they do.

20 Q I got you. Did somebody else provide any of
21 the summary medical records or other information?

22 A No. We don't use anybody like that.

23 Q Okay. Well, I mean, did Liberty Mutual --
24 anybody from Liberty Mutual --

25 MR. PAWLOSKI: It's not Liberty Mutual. It's

1 Liberty Med-Legal.

2 MR. ROSENTHAL: Liberty Med-Legal.

3 Q Did anybody from Liberty Med-Legal do any
4 medical summaries; deposition summaries; anything like
5 that?

6 A No.

7 Q Okay. You did all the work yourself?

8 A Yes.

9 Q All right. And do they have -- they charge
10 some percentage of the fees that are charged?

11 A It comes out of their percentage for me.

12 Q And do you know what that is?

13 A They get 30, 35 percent.

14 Q All right. And they handle all of your
15 med-legal work here in the Sacramento area?

16 A In this area they do, yes.

17 Q Okay. And, with regard to your work in the
18 Sacramento region, what percentage would you say is for
19 the plaintiff versus defense? I'm talking about
20 med-legal work.

21 A In this area through Liberty Medical, it's
22 almost a hundred percent defense.

23 Q Okay. Is that something that has changed over
24 time?

25 A In our office in San Francisco, it fluctuates

1 a great deal between plaintiff and defense work.

2 Q Okay. But here in Sacramento it's a hundred
3 percent for defense?

4 A Well, I think through this -- well, it's not a
5 hundred percent. I can remember several plaintiff
6 cases, but there's usually some connection with one of
7 the attorneys that had a personal case that needed
8 doing.

9 Q Okay. And in terms of -- let's talk about
10 maybe the last five years. Has your -- the percentage
11 of time that you spend doing medical-legal, has that
12 remained pretty constant; increased; decreased?

13 A It really -- it varies a great deal. I do a
14 number of different things. Probably 30 percent of my
15 time is research and development. We have several
16 medical devices that we've taken through cadaver patient
17 series. We've traveled extensively doing clinical
18 studies. We've published extensively. It's in the
19 literature attached. I own about four or five patents
20 now. We're continuing to do research. We have an
21 office in Lafayette where we do that. We have
22 biomedical people that we hire to do the physics and the
23 development. Then I do a portion of my time for the
24 Medical Board of California evaluating neurosurgical
25 problems.

1 Q Are you -- now you said -- if I may stop you,
2 you said about 30 percent of your time is spent with
3 your research --

4 A Yes.

5 Q -- on devices?

6 A Well, research there. And I've, also, --
7 coming from Florida, I had a marine interest, and I'm
8 working with a research lab with Florida Institute of
9 Technology on that project.

10 Q Is this still within the 30 percent?

11 A Yes.

12 Q Okay. I got you. Okay. So then what
13 percentage would be clinical?

14 A Clinical is probably another 30 percent. I
15 see patients two full days a week. I do a clinic up in
16 Mendocino. There used to be five neurosurgeons in
17 Santa Rosa and four in Eureka, but it's now two guys.
18 So there's a huge population that's underserved, and so
19 we go up there every week. And once or twice a month
20 we'll spend two days there, and we see patients from
21 mostly Eureka, Fortuna, the 101 corridor, Fort Bragg,
22 Mendocino --

23 Q But that's all included within the 30 percent
24 of your clinical?

25 A Yeah. And then I see patients from UCSF.

1 Workmen comp. at UCSF is right next door, and so we'll
2 see those people with spine problems.

3 Q Is that -- do you consider that part of your
4 clinical or part of your --

5 A That's part of the clinical.

6 Q And then 40 percent in med-legal, or am I
7 skipping something?

8 A As far as timewise, yeah, the med-legal and
9 peer review. Also, I do a chunk of time reviewing
10 neurosurgical practices around the country. There's a
11 lot of small hospitals that have one or two
12 neurosurgeons, and it's usually the OR executive
13 committee or something will wonder about standard of
14 care issues, and so we'll go in there and look at 30 or
15 40 cases and report to them, and that takes -- when that
16 happens, it can take up a huge amount of time.

17 Q Okay. Now, I'm a little unclear. Are we in
18 the -- is this part of what you consider med-legal?

19 A Well, I would -- I mean, I guess with the
20 board of medicine that would be med-legal, too.

21 Q Okay.

22 A So, between peer review, board of medicine, we
23 do a lot of standard of care or malpractice referrals,
24 and 80 percent of that is plaintiff; but the majority of
25 the civil cases, such as this, are probably defense

1 cases.

2 Q Okay. And so let me just focus on that civil
3 part of civil cases. How much -- what percentage of
4 your time do you think you spend on those civil cases?

5 A I spend almost no time during the daytime
6 unless I'm traveling like coming up here for a depo. So
7 I'm in my office every night going through stuff like
8 that. So I would say probably 50 percent of the time
9 I'm reviewing records in the evening, on the weekend.

10 Q Okay. But, in terms of the overall time that
11 you spend as a working physician, --

12 A Yeah.

13 Q -- any way to characterize how much time you
14 spend doing the med-legal civil stuff?

15 A I would say probably 30 to 40 percent of the
16 time.

17 Q Okay. And what percentage of your income does
18 that generate?

19 A Probably 70 to -- plus percent.

20 Q Okay.

21 A And research presents zero income.

22 Q Okay. All right. And then so, in terms of
23 actual amounts that you earn doing medical-legal, how
24 much has that been, say, within the last three or four
25 years?

1 A Probably 5 to 600,000.

2 Q Okay. Would you say that over the last five
3 years that you've increased the amount of med-legal
4 civil work that you've been doing?

5 A I don't think so. I can't go any harder.

6 Q Yeah. Okay. So that's remained pretty
7 constant over the last five years or so?

8 A Yeah. In fact, it's probably dropping a
9 little bit, but I'm just not as full of beans as I used
10 to be.

11 Q All right. Now, you mentioned you had seen
12 the materials that was provided by Dr. Dublin. Was that
13 his report?

14 A His report only.

15 Q Did you ever speak to him?

16 A No.

17 Q Anything that you're -- specifically in that
18 report that you're relying on in formulating your
19 opinions?

20 A No. I mean, my opinion seems to be validated
21 by his report. I mean, I felt they were all
22 degenerative changes.

23 Q He didn't necessarily add any new information
24 to you that you already had; he just confirmed what you
25 had already decided?

1 A Correct.

2 Q With regard -- did you ever review anything
3 from Larry Neuman?

4 A From who?

5 Q Larry Neuman. Do you know who that is?

6 A No, I have no idea.

7 Q How about Rick Roberts? Did you ever review
8 any materials from him?

9 A No.

10 Q All right. Did you consider the amount of
11 forces that were involved in the collision in arriving
12 at your opinions?

13 A No. They were sufficient to cause an injury.
14 That's for sure.

15 Q All right. And sufficient to cause, for
16 instance, a myofascial injury, soft tissue injury?

17 A Correct.

18 Q Sufficient to cause a disc herniation?

19 A It could have.

20 Q Okay. Well, I mean, in terms of the forces --
21 you're not saying it did, but, in terms of the forces
22 that were involved, they were sufficient to cause disc
23 injury; true?

24 MR. PAWLOSKI: Wait. Objection. Incomplete
25 hypothetical, lacks foundation.

1 Go ahead.

2 THE WITNESS: I've not read his report, you
3 know, but I'm just going from the patient -- both the
4 patients' description of the injury.

5 MR. ROSENTHAL Q: So you have the deposition
6 of Michael Aveni. You've reviewed that; right?

7 A Yes.

8 Q The deposition of Miss Hunt, Anne Hunt?

9 A Yes.

10 Q The deposition of Mr. Mini, the driver of the
11 truck; true?

12 A I don't think I saw him, no.

13 Q Okay. Have you seen any pictures of the
14 vehicles involved in the collision?

15 A No.

16 Q Have you seen any property damage estimates or
17 anything like that?

18 A I saw a couple. There was one for \$9,000 to
19 the car, but that was in Dr. Orisek's record. That's
20 the only one I've seen.

21 Q Okay. All right. Did you feel like you had
22 enough information based on everything that you reviewed
23 to decide whether there was a disc injury in this case
24 or not?

25 A Yes. I just thought that the description of

1 injury was -- I mean, of the accident from their
2 description was sufficient that it's very similar to
3 dozens of other cases I reviewed that you could get
4 similar injuries.

5 Q Okay. And, when we say "similar injuries,"
6 that's what I'm kind of saying. And I'm not tying you
7 down in this specific case, but, in general, in an
8 accident like this, you could suffer a soft tissue
9 injury; right?

10 A Correct.

11 Q And, in general, in an accident like this, you
12 can sustain a disc injury?

13 MR. PAWLOSKI: Well, sustained objection for
14 foundation, but go ahead. Incomplete hypothetical.

15 THE WITNESS: When you say a disc injury, we
16 look for evidence, objective evidence, of a disc injury.

17 MR. ROSENTHAL Q: And here is where I want to
18 make sure that we understand each other, Doctor. I'm
19 just talking about in your experience, your clinical
20 experience in your treating your patients and knowing
21 what you know about their situations, you can sustain a
22 disc injury in an accident similar to this; correct?

23 MR. PAWLOSKI: Well, objection. Vague,
24 speculation, lacks foundation.

25 MR. ROSENTHAL: Well, objection coaching the

1 expert witness, but anyway --

2 MR. PAWLOSKI: No. I'm making legal
3 objections, every one of those.

4 MR. ROSENTHAL: Yeah, I know.

5 MR. PAWLOSKI: Every one was a legal
6 objection.

7 MR. ROSENTHAL: Yeah, every one was an
8 unsustainable legal objection. But, anyway, go ahead.

9 THE WITNESS: It's possible to get a disc
10 injury. I believe the patients were factual.
11 Dr. Aveni, particularly, I found he was factual in his
12 recount of the accident and his deposition. I would not
13 say the same for Miss Hunt; but, for Dr. Aveni, he was
14 truthful and forthcoming, and I think he was honest in
15 his complaints, and he did have some objective findings.

16 MR. ROSENTHAL Q: Okay. What were those
17 objective findings?

18 A Mainly reproduction of the pain with certain
19 neck motions, the tenderness, and, neurologically, he
20 was totally intact. So, objectively, you're only left
21 with the patient's subjective complaints, and the only
22 objective findings you have is some slight restricted
23 range of motion of the spine and some tenderness.

24 Q And you're talking about an examination that
25 you performed recently; true?

1 A Correct.

2 Q So, the restricted range of motion, is that
3 due to the motor vehicle collision?

4 A Or due to the pain that he was complaining
5 about.

6 Q Okay. Well, is the pain -- the pain is due
7 to -- the restricted range of motion is due to the pain
8 that he's talking about?

9 A Correct.

10 Q Is the pain that he's talking about due to the
11 motor vehicle collision?

12 A As far as -- I believe the evidence is that
13 his complaints are caused by the motor vehicle accident.

14 Q Okay. And what are the cause of -- what are
15 the underlying cause of those complaints?

16 In other words, is it myofascial?

17 Is it aggravation of disc disease?

18 What is it?

19 A His complaints, subjective, are all
20 muscular-type complaints. He had no radiological -- I
21 mean, no neurological findings or neurological
22 complaints. His objective findings were limited on exam
23 to some muscle restriction. There were no objective
24 radiological evidence of injury. I think his complaints
25 were mostly myofascial, but he had underlying

1 degenerative disc disease and well could have a
2 component of what's called discogenic or
3 facetogenic-type pain, either one. He had some mild
4 degeneration in both.

5 Q Okay. So -- and, when you say "both," they're
6 actually two different things; right?

7 You're talking about disc -- potentially,
8 there's a component of discogenic disease; correct?

9 A Could be, yes.

10 Q Okay. Potentially, there's also an element of
11 facet-mediated pain?

12 A Correct.

13 Q Okay. And those are -- those are from the
14 facet joints?

15 A Correct.

16 Q Okay. And is it your belief, more likely than
17 not, that there was discogenic pain, a component of
18 discogenic pain?

19 A No, I can't say that with any reasonable
20 probability.

21 Q Okay.

22 A His complaints -- most of his complaints were
23 muscular, and they would be classified as myofascial.

24 Q So is it your opinion that, more likely than
25 not, his ongoing complaints today are due to a -- are

1 myofascial in nature?

2 A Correct.

3 Q Could be discogenic, but you don't feel that's
4 more than likely?

5 A Not more than likely and certainly in
6 improving.

7 Q And, in terms of facet, it's possible, but not
8 more than likely?

9 A Not probable, but possible.

10 Q When you looked at the MRI, did you see that
11 there was a disc herniation at C6-7 on the first MRI?

12 A No, I did not.

13 Q What did you see at C6-7 on the first MRI?

14 A They were degenerative complex, both -- when
15 the disc darkens and dehydrates, part of the process is
16 some flattening and bulging of the posterior
17 longitudinal ligament and eventually some spur formation
18 or osteophyte formation, and that's what we saw in him,
19 in my opinion, was disc end folding, but it was not a
20 herniation.

21 Q Okay. So --

22 A Certainly not acute.

23 Q What would you -- how would you characterize
24 the pathology at C6-7 as shown on the first MRI?

25 A Age-related changes.

1 Q Okay. I mean, was it -- you're saying that it
2 was not a herniation, though; correct?

3 A It was not a herniation.

4 Q Okay. You were saying a disc bulge?

5 A Well, I -- the proper definition of herniation
6 is greater than 4 millimeters of disc material, and
7 certainly it was nothing like that. He had -- he had
8 a -- let me see. I want to make sure I don't mix the
9 two up. I thought he had a very teeny anterior disc
10 osteophyte complex at 5-6 and more extensive
11 degeneration at 6-7, but there was no significant
12 stenosis of the canal or the nerve outlets at either
13 level.

14 Q Was there any foraminal stenosis?

15 A Not of any significant, no.

16 Q How do you determine whether foraminal
17 stenosis on an MRI is significant?

18 A By if they have radiculopathy, one thing; but
19 just we look at MRIs all day long, every day, and
20 examine patients, and when people have significant
21 foraminal stenosis enough to cause nerve root
22 compression it's usually more than you see here. You,
23 also, can test for foraminal compression on exam, and
24 it's very specific, and he did not have that, and he had
25 no neurological symptoms. He had some effervescent

1 tingling in the past, but not when I saw him.

2 And most of us think that people with acute
3 whiplash or myofascial injuries have a lot of
4 contracture of their cervicothoracic musculature, and
5 they actually get what we call physiological thoracic
6 outlet syndrome, and it comes and goes. It's largely
7 positional. But, when people are in a lot of pain, they
8 tend to contract with that; and, if you have a narrow
9 thoracic outlet to start with, then that could cause it.
10 That type of hypothesis is totally unprovable, I mean,
11 because you would have to be able to do an MRI with them
12 in that position, which you can't do. And, actually, we
13 can see thoracic outlet compression now on MRI. It's
14 that good. You can actually see edema. But that's not
15 in this type of situation.

16 Q All right.

17 A But, if you look for it, you can often elicit
18 that type of history.

19 Q He describes in his -- in the medical records
20 and in the -- and in his deposition some complaints in
21 both arms; right?

22 A Yes.

23 Q And he's got some numbness and some referred
24 pain in his arms?

25 A When I saw him, he had some headaches,

1 referred pain to the head, but he did not -- I did not
2 elicit a history of numbness in the arms the time I saw
3 him.

4 Q Did he ever describe that as something that
5 would kind of come and go?

6 MR. PAWLOSKI: The headaches or the --

7 MR. ROSENTHAL: I'm sorry. The numbness in
8 the arms.

9 THE WITNESS: Well, he would not give me that
10 numbness as a complaint when I examined him on the 10th
11 of March.

12 MR. ROSENTHAL Q: One of the things that can
13 happen is you can have some degenerative process in your
14 spine, and it is asymptomatic until a traumatic event,
15 and then it becomes symptomatic; is that fair to say?

16 A That's exactly what I'm saying when I say he
17 had an aggravation of a previous asymptomatic condition.

18 Q You're saying that he did?

19 A Yes. I think he had -- that's the diagnosis
20 that I would opine to him.

21 Q Okay. But I thought you said that you felt it
22 was more likely than not that he did not have a
23 component of discogenic pain?

24 A I cannot prove it. I said he had preexisting
25 degenerative cervical disc disease that's asymptomatic.

1 And so we say the accident lit up that degenerative
2 changes causing mostly myofascial-type pain.

3 Q Okay. But are you saying that it's likely
4 that the trauma lit up the preexisting degenerative
5 changes causing it to become symptomatic?

6 A Correct.

7 Q Okay. And that is the reason for the
8 underlying -- the myofascial symptoms that he's having?

9 A Correct.

10 Q Okay. Are you making a distinction between
11 cervical degenerative changes in general and discogenic
12 degenerative changes?

13 A No. I think they're all part of the same
14 complex.

15 Q All right. So Dr. Aveni's symptoms were due
16 to a traumatic aggravation of preexisting -- some
17 preexisting degenerative process?

18 A Correct.

19 Q We don't know what that was because we don't
20 have a -- exactly what that was because we don't have a
21 pre-accident MRI; correct?

22 A Correct. But the changes on MRI that were
23 done within months of the accident showed changes that
24 clearly had to take years to form.

25 Q There was a second MRI. You saw that?

1 A Yes.

2 Q And it appeared to show a difference at the
3 C6-7 level; is that correct?

4 A Yeah. I went over that again last night, and
5 I, frankly, have a hard time -- I mean, there's a little
6 central bulge at 6-7, and I'm not sure if it's a feature
7 of the technique or a real finding, but it's an
8 insignificant difference between the two MRIs, in my
9 opinion.

10 Q Okay. You saw Dr. Orisek's deposition?

11 A Yes.

12 Q He said there was a resorption of the disc at
13 C6-7?

14 A Yes.

15 Q And you disagree with that?

16 A I do, yes.

17 Q Okay. You didn't see -- you didn't appreciate
18 a significant difference between the two MRIs?

19 A I did not.

20 Q Do you have -- do you isolate which
21 degenerative changes -- in other words, at C5-6 or
22 C6-7 -- that were aggravated by the trauma?

23 A No. I don't think you can really tell. I
24 mean, they're, essentially, minimal degenerative changes
25 at both levels that are not significantly difference

1 between one another. I would defer again to Dr. Dublin,
2 but I don't think that there was any significant
3 difference.

4 Q All right. With regard to the medical care
5 that Dr. Aveni received, was the chiropractic care that
6 he received reasonable?

7 A There is good clinical validation or
8 evidence-based literature to support chiropractic care
9 in the first three months after an acute injury. There
10 is no evidence to base improvement on chiropractic care
11 after three to four months.

12 Q All right. So is it your opinion the
13 chiropractic care that he received within the first
14 three months of the collision was reasonable?

15 A Yes.

16 Q And made necessary by the accident?

17 A Correct.

18 Q Well, did you review the bills and determine
19 if any of it --

20 A I did not review the bills.

21 Q All right. What about the first MRI? Was it
22 reasonable to have an MRI with regard to the cervical
23 spine?

24 A Yes. In today's time, I think it's the usual
25 and customary.

1 Q Okay.

2 A We used to say that you don't do an MRI until
3 you have evidence of nerve root compression or spinal
4 cord compression, but that's before we had an MRI on
5 every corner.

6 Q With regard to the --

7 MR. PAWLOSKI: MRIs R Us.

8 MR. ROSENTHAL Q: So the first MRI was
9 reasonable?

10 A Yeah.

11 Q What about the second MRI? Was that, also,
12 reasonable?

13 A Yeah. I mean, it's -- according to somebody,
14 there was another accident, but in 2012. So it makes
15 sense to get another one to see if there's a difference.

16 Q Okay. Was it your impression that the MRI for
17 the second accident was caused -- strike that.

18 Was it your impression that the need for the
19 second MRI was for an accident that he had in 2012?

20 A Was caused by what?

21 Q Sure. Was it your impression from review of
22 the records that the need for the second MRI was caused
23 by another accident that he had in 2012?

24 A No.

25 Q Okay. So was -- the second MRI, it was

1 reasonable, and it was made necessary by the first
2 accident; correct?

3 A Well, our practice is probably typical with
4 everybody else. If somebody wants to send a patient to
5 us for consultation, the MRI has to be no more than a
6 year, preferably less than six months; and, if we decide
7 or even thinking about surgery, an MRI that's over a
8 year old, we'll repeat it. So I think the question of
9 surgery arose again, and the MRI that's done in 2011 is
10 outdated.

11 Q Okay.

12 A It made sense to repeat it.

13 Q All right. So, if they did a third MRI a year
14 later, that would be reasonable as well?

15 A Well, I think that the accident is 2010. You
16 repeated the MRI 2013. I don't see any reasonable need
17 to do another one unless there's dramatic change in
18 symptomatology.

19 Q Okay. It's your feeling that Dr. Aveni is not
20 a good candidate for a disc replacement procedure?

21 A Absolutely. I mean, it's interesting.
22 Dr. Orisek first wanted to do it at 6-7, but when he got
23 the second MRI he changed it to 5-6. And I think that
24 not only it's not reasonable; that would be probably
25 disastrous. Because, if he did 5-6, and you've got

1 degenerative changes at 6-7, you're bound to get
2 adjacent-level problems there. And, if you do 6-7, and
3 you know you've got some degeneration at 5-6, you're
4 just asking for a problem. You don't have to wait for
5 adjacent-level disease. It's already there.

6 Q Given the length of time that Mr. Aveni --
7 Dr. Aveni has had his symptoms, do you feel it's likely
8 that those symptoms are going to continue into the
9 future?

10 A On a sporadic basis, yes.

11 Q Sporadic basis for the rest of his life?

12 A No. I mean, that -- we don't know. I mean,
13 there's a lot of us who have been symptomatic for years
14 and then finally realized it didn't bother you anymore.

15 Q In terms of probability, how long would you
16 say that it's probable that he's going to continue to
17 have symptoms?

18 A Well, I think if it's just myofascial and
19 there's no underlying pathology, the usual
20 symptomatology, the median, or when half the people are
21 well, it's before six months; but, like any natural
22 population distribution, you never get to the end of the
23 bell-shaped curve. There's always some people that are
24 there years out.

25 Q Well, what we do know is, and I think from

1 your testimony is, that Dr. Aveni's pain, up until this
2 time, was caused by the motor vehicle collision; true?

3 A Correct.

4 Q Okay. So he, obviously, falls outside the
5 bell curve; true?

6 A No. He's still in it; he's just further down
7 the slope.

8 Q Okay. And, if I understood correctly, I
9 thought you said that there was some underlying
10 pathology that is contributing to his myofascial pain?

11 A Well, there's some pathology there. You can't
12 ignore that, and so, you know, you say, Well, it could
13 be part of the problem.

14 Q Well, given all we know, is it likely that
15 these symptoms are going to persist in some capacity for
16 the rest of his life?

17 A I don't think you can say probable, but it is
18 possible.

19 Q If you had to limit it to probable, do you
20 have a timeframe?

21 A No.

22 Q Five years? Ten years?

23 A No.

24 Q Can't do that?

25 A I'd say under five.

1 Q All right. And you'd feel like injections
2 would help?

3 A Pardon me?

4 Q Do you feel like injections would help
5 Dr. Aveni?

6 A Adjustments, chiropractic adjustments?

7 Q I'm sorry. I misspoke.

8 Injections, do you think there are any type of
9 injection that might be beneficial to Dr. Aveni?

10 A Oh, no, no.

11 Q Okay. What about facet injections?

12 A You know, it's something people try. I
13 mean, -- I mean, facet injections, everybody thinks
14 they're the new kid on the block, but they're not. We
15 did facet injections in the 1980s and early 1990s on
16 everybody all the time. I did them. We thought it was
17 the best thing since Cracker Jacks for a while, and then
18 we -- and now nobody ever has heard that we've been down
19 this road before, and so everybody is doing facet
20 injections.

21 What happened in the interim was diskography
22 came along, and so everybody got a diskogram: You must
23 have a painful disc; we'll inject that. And, boy, we
24 paid for that philosophy. And nobody does diskography
25 anymore, but you can bring in all these pain guys out

1 there that you've taken away their number one thing, and
2 so now you see it's something to replace it and it's
3 called facet injections.

4 And maybe they'll get further than they did
5 before, but I have people -- I just saw some this week
6 that had facet injections, and the first time it's
7 wonderful, and they get a couple months. And the second
8 time, well, it's not so wonderful. You know, they got
9 maybe a month. And then the third time it doesn't work.
10 But yet you see over and over, "Well, you need facet
11 injections, up to three a year, for life." But those of
12 us in the business, when we follow these patients, it's
13 extremely rare that anybody even asks for the fourth
14 injection. They just give up on it themselves. So are
15 we really doing anything of benefit, or is it just
16 placebo?

17 Q Is that what you feel about it; that the facet
18 injections are a placebo?

19 A It could be. It's not been proven to the
20 otherwise at this point.

21 Q Okay. Epidurals, same thing?

22 A Epidurals have clear indications, and that is
23 nerve root compression with a radiculopathy, and they
24 are safe in the lumbar spine because there's not much
25 around the nerve root. The nerve root comes out.

1 There's nothing important.

2 In the cervical spine, you've got the
3 vertebral artery of the brainstem. I've seen a couple
4 strokes after epidurals. I mean, you're millimeters
5 away from their artery when you inject. And a lot of
6 people inject what they call interlaminar injections.
7 I've seen five people paralyzed from the neck down with
8 intralaminar injections. So it's not a free lunch.
9 There's significant catastrophes. And, unless something
10 has proven efficacy, you're just taking a risk. So I
11 don't recommend cervical epidurals for anybody.
12 Sometimes we'll do a transforaminal injection. You can
13 do that if the guy is good. But, interlaminar, when you
14 see somebody that's just had pain before and now is a
15 quad, it makes you pause.

16 Q I want to -- I don't want to be presumptuous.
17 Are these your reports regarding Plaintiffs Aveni and
18 Hunt?

19 A Yeah.

20 MR. ROSENTHAL: Could I mark those separately.
21 (Whereupon Plaintiffs' Exhibits G,
22 H and I were marked for
23 identification.)

24 MR. ROSENTHAL Q: This is a March 10, 2015,
25 report. Is that the only report that you prepared with

1 regard to Dr. Aveni?

2 A I think so, yes.

3 MR. ROSENTHAL: Okay.

4 THE WITNESS: And this is Dr. Dublin's report.

5 I guess you have that.

6 MR. ROSENTHAL Q: And then you have Anne

7 Hunt's report?

8 A Yes.

9 Q It's just one report?

10 A Yes.

11 Q I'm going back to A. Is this a printout of
12 the site that you and Dr. McCormack maintain?

13 A Yes.

14 Q I'm not going over specifics, but
15 Dr. McCormack is responsible for putting that content on
16 the Website?

17 A Yes.

18 Q Do you think it's all accurate?

19 A I think it, basically, is accurate, yes. The
20 previous question you asked, certainly trauma occurs
21 more frequently during that age group.

22 Q And, I mean, when you say "trauma," you mean
23 traumatic injury to a disc --

24 A Yes.

25 Q -- in that 30 to 50 age group?

1 A Yes. The most common cause of death after age
2 40 is trauma.

3 Q Reckless young people in the world.

4 A Huh?

5 Q There's so many reckless young people in the
6 world.

7 A Us old farts, too.

8 MR. ROSENTHAL: That's all the questions I
9 have for you at this point.

10 EXAMINATION

11 By: RONALD R. HAVEN, Attorney at Law, counsel on behalf
12 of the Plaintiff, ANNE HUNT:

13 Q Dr. Eyster, I'm Ron Haven. I represent Anne
14 Hunt. I'm not going to repeat everything that was asked
15 of you before, but I would like to ask you, specifically
16 with respect to Miss Hunt, how long did you spend
17 examining her?

18 A With the examination?

19 Q Yes, sir.

20 A I don't recall. I mean, we devote an hour.
21 We charge an hour whether we spent that much time. I
22 don't recall, but part of that time it's history-taking
23 and then examination.

24 Q All right. Let me ask you, you know, just
25 point-blank. You say in your impression that the

1 accident that we're talking about here caused soft
2 tissue injuries to the cervicothoracic spine as well as
3 the cervical spine; is that correct?

4 A Correct.

5 Q Can you describe for me what the injury was
6 that she received?

7 A Well, I mean, she had probably a
8 hyperextension flexion-type injury. She had underlying
9 degenerative changes that were probably aggravated by
10 the accident.

11 Q Okay. Was she still complaining of pain when
12 you examined her?

13 A Pardon?

14 Q Was she still complaining of pain on the date
15 that you examined her?

16 A Yes. Absolutely, yes.

17 Q The pain that she was complaining of on that
18 day, was that caused by the accident?

19 A Yes. Well, part of it was. I think, in
20 reviewing the medical records, I had some reservations
21 about the thoracic.

22 Q The upper thoracic?

23 A Yes.

24 Q Okay. We know that she had some treatment
25 going back to the late '90s, early 2000, timeframe; is

1 that right?

2 A Yes.

3 Q Okay. When was the last time prior to this
4 accident that she received any treatment on her neck?

5 A 2005.

6 Q Okay. It was in 2005 she did receive some
7 neck therapy at that time?

8 A Well, it was to the midback. I mean, I have
9 records from Dr. Farr, the chiropractor, that, like a
10 lot of chiropractic records, don't give you a wealth of
11 information.

12 Q You're not a chiropractor, are you?

13 A Pardon me?

14 Q You're not a chiropractor?

15 A No.

16 Q And you don't pretend to be an expert in
17 chiropractic issues?

18 A No, I don't.

19 Q Okay.

20 A Fortunately, I had a lot of them as patients,
21 but -- his records -- in fact, the first record we have
22 is a pain diagram that was filled out by the patient.

23 Q What's the date of that?

24 A It doesn't have a date, but it's got the 1994
25 injury on it.

1 Q Okay.

2 A And she had circled the neck, the lower
3 scapula, and the lumbar in the right.

4 Q What I want to ask you, though, is when was
5 the last time that Dr. Farr actually treated her neck?

6 A Her midthoracic seems to be last treated by
7 him in 2005.

8 Q So when did he last treat her neck?

9 A The neck, I don't know. I mean, the pain
10 diagram also included the neck. In his records, from
11 what I can read, he talks about the low back he treated
12 and then the midback, and then some of the records also
13 have cervicothoracic, but I can't see that he actually
14 treated those.

15 Q Okay. Is there any evidence that she had any
16 treatment for her cervical injuries between 2005 and
17 2010?

18 A No.

19 Q Are you aware of what her activity level was
20 before this accident?

21 A Well, she works in the produce department at a
22 grocery store.

23 Q Do you know what that means, what that
24 involves?

25 A Unfortunately, I do. I worked my way through

1 college.

2 Q All right. So you're lifting heavy boxes?

3 A And unloading semis in the dark.

4 Q All right. So she was doing those kinds of
5 things. What else did she do between 2005 and --

6 A She stacked the produce. She had to arrange
7 the displays. It's back-bending work. I mean, it's --

8 Q Okay. Do you have any reason to believe that
9 she was complaining of symptoms between 2005 and 2010 in
10 the cervical area?

11 A No. But, I mean, we -- what troubled me was
12 the diagrams that Dr. Aveni had of her spine when she
13 was being treated by him after the accident.

14 Q What troubles you about that?

15 A Well, he primarily marks the midback, some of
16 the low back, and also the neck; but, if you look at his
17 scribbling, it goes down to the upper lumbar, includes
18 the base of the neck, and that's where most of her
19 symptoms were back when she was receiving treatment with
20 Dr. Farr in the midthoracic area, which is where
21 Dr. Aveni keeps marking his pain diagram.

22 Q So we have -- we have Dr. Farr up to about
23 2005, and then we have Dr. Aveni with similar symptoms
24 in 2010 and later; is that correct?

25 A Yes. I mean, as far as the midback, the

1 thoracic. I think her cervical may be new. I can't
2 prove that it's not. But at least the areas treated by
3 Dr. Aveni on his pain diagram seem to be the same ones
4 that Dr. Farr treated back in 2005, and she tells me
5 that they're still definitely work-related that she gets
6 those symptoms. So, one, you have to assume she's done
7 the same work that caused it before and caused treatment
8 for 10 years; and then she did the same work for five
9 more years, and then she has the same symptoms again
10 after doing that work again.

11 Q And, also, after her accident?

12 A Pardon me?

13 Q And, also, after her accident?

14 A Yes, yes.

15 Q Okay. Based on your knowledge of the
16 accident, is the accident severe enough to cause her
17 injury to her neck area?

18 A Yes.

19 MR. PAWLOSKI: Lacks foundation. Go ahead.

20 THE WITNESS: I mean, I -- I mean, I think
21 they both had what we call a myofascial injury from the
22 accident.

23 MR. HAVEN Q: Okay. And, in her case, also,
24 did this injury light up her preexisting condition
25 which, apparently, had been asymptomatic for five years?

1 A Well, it lit up part of the condition that's
2 asymptomatic. At least I think the records don't allow
3 you to come down firmly one way or the other. It could
4 well be, according to Dr. Farr's record, that she had
5 some cervical complaints. I think his records are
6 inadequate to answer the question, "Did those complaints
7 continue, or were they part of the thoracic complaints
8 with" -- I mean, if you have a thoracic disc that's hot
9 in the mid to upper back, you can get cervical
10 complaints with it because it aggravates the same muscle
11 group.

12 Q But it's fair to say that Dr. Farr's records
13 document a thoracic problem, but they do not document a
14 cervical problem after about 2003; isn't that true?

15 A That's probably true, yeah.

16 Q All right. So, when it comes to the thoracic
17 injury, from a medical causation standpoint now, from a
18 medical causation standpoint, you would agree that she
19 suffered a neck injury or a cervical injury for the
20 first time in this accident?

21 A I'm not sure. I mean, because we have records
22 going back where the neck is circled by the patient in a
23 questionnaire that, apparently, is 1994 vintage.
24 Because she -- on that same page she referenced a 1994
25 injury.

1 Q Okay. So we have a pain diagram 16 years
2 before our accident --

3 A Yeah.

4 Q -- that documents a neck injury; --

5 A Correct.

6 Q -- is that what you're saying? Okay.

7 Do we have any additional documentation of a
8 neck injury after 2003?

9 A No, we do not.

10 Q Can you say if it's more probable than not
11 that from 2003 to 2010 at the time of this accident that
12 she was suffering neck symptomatology?

13 A I can't say that, but, more probably than not,
14 she was. I think, more probable than not, she continued
15 with the midback problem which was work-related.

16 Q All right. You would expect somebody who does
17 that kind of work to have a backache once in a while,
18 wouldn't you?

19 A I quit.

20 Q Okay. Wise choice.

21 Do you have a fellowship in spine surgery?

22 A Neurosurgery does not have a requirement for
23 that.

24 Q So the answer is no then; correct?

25 A Right.

1 Q All right. I was confused by what you said
2 about Dr. Dublin. I thought you said Dr. Dublin's
3 reading of the radiological images was the same as
4 yours; is that correct?

5 A Right.

6 Q All right. He simply confirmed your opinion?

7 A Yes.

8 Q You don't consider Dr. Dublin to be more of an
9 expert in reading MRIs and x-rays than you are, do you?

10 A Well, that's what -- I mean, he's a board
11 certified neuroradiologist. I defer my opinion to such
12 a person with those credentials.

13 Q Well, you're a board certified neurosurgeon,
14 aren't you?

15 A Correct.

16 Q And part of what you do as a board certified
17 neurosurgeon is look at radiological images and then do
18 surgeries based on what you see there?

19 A Yes. I mean, often we do it in concert. If
20 there's any question, we will review it with a
21 neuroradiologist.

22 Q All right.

23 A But, I mean, a lot of times we might come in
24 with a footdrop and a huge ruptured disc at 4-5, and you
25 don't need to waste time getting somebody to look at

1 that. But, when you're looking at something that
2 somebody says one thing, and you don't see that, then
3 those are the type of questions, "What do you see with
4 no preamble?" Such as this case, "Just tell me what you
5 see."

6 Q Okay. Let's go back to Anne Hunt's thoracic
7 issues.

8 A Uh-huh.

9 Q Did you find any record of complaints in her
10 thoracic back after 2005 up until the time of this
11 accident?

12 A I have no medical records at all for that
13 period of time.

14 Q Okay. In her deposition, did she say that she
15 was not having problems in the neck and the cervical
16 spine -- excuse me -- in the thoracic spine for five
17 years before this accident?

18 A Well, she also said that --

19 Q Did she -- just answer my question. Did she
20 say that?

21 A Yes. I think she said that in her deposition.

22 Q And she also said what?

23 A That she was only treated for a lumbar
24 problem --

25 Q Uh-huh.

1 A -- by Dr. Farr. And she told me the only
2 injury she had was to the low back and working at the
3 workmen's comp. injury.

4 Q All right. Have you reviewed the workers'
5 comp. records to determine what her complaints were in
6 that workers' comp. claim?

7 A The only records I have are from that period
8 of time with Dr. Farr's records.

9 Q So then the answer is, no, you haven't
10 reviewed that?

11 A No, I have not, no.

12 Q All right. Did you review the Kaiser records
13 regarding Miss Hunt?

14 A I did.

15 Q Did you find anything in the Kaiser records
16 that was inappropriate?

17 A No.

18 Q Would you believe that the orthopedic
19 treatment and evaluation that she had at Kaiser was
20 appropriate for her injury?

21 A Yes.

22 Q All right. Was there anything that Kaiser did
23 in evaluating her neck and thoracic injury that you felt
24 wasn't reasonable?

25 A Yes.

1 Q What was that?

2 A I'll have to go back and see, but I think
3 they -- that she was referred to their --

4 Q The acupuncturist?

5 A Well, part of her treatment was for the knee,
6 which is outside of my field of expertise, at Kaiser;
7 but, as far as the referral to Dr. Bertsch, I thought
8 that was appropriate.

9 Q Did you think that Dr. Bertsch's treatment of
10 Ms. Hunt was appropriate and necessary?

11 A Yes.

12 Q All right. So just we have to go take another
13 deposition here so I'm trying to hit the mountaintops if
14 I can.

15 Did you see anything in the Kaiser records
16 regarding the treatment of Ms. Hunt's spine that was
17 unreasonable or unnecessary?

18 A No.

19 Q All right. Did you see anything -- strike
20 that.

21 MR. HAVEN: That's all I have. Thank you.

22 MR. ROSENTHAL: I think we're done.

23 MR. PAWLOSKI: Okay.

24 MR. HAVEN: One more question.

25 Q Do you do civil med-legal stuff outside of

1 Sacramento? When you work for this group here where
2 we're at right now, do you do similar for other groups
3 in other parts of the state?

4 A No other groups. I used to do QME work, which
5 I guess is workmen's comp. evaluation.

6 Q All right. But you have this agreement where
7 you work with this outfit here in Sacramento. You don't
8 have similar agreements with other outfits in other
9 cities?

10 A Well, there's a group called Benchmark that
11 does QME work that I worked for years ago, and then I'm
12 trying to distance myself from them. I still have a
13 contract, but I don't -- there's one lady there that had
14 her own shop, and she sold it to them, and she helped me
15 when I first started doing QME work, and I never heard
16 of that before I came to California, and she's a dear,
17 and I'll see her -- about one patient for her a year or
18 something like that.

19 MR. HAVEN: Thank you very much.

20 (Whereupon the proceedings were
21 concluded at 2:58 p.m.)
22
23
24
25

1 CASE: AVENI vs. MINI, et al.

2 DATE: March 31, 2015

3

4 I hereby declare under penalty of perjury that
5 I have read my deposition transcript, made the changes
6 and corrections that I deem necessary, and approve the
7 same as now true and correct. I hereby state there are:
8 (Check one)

9

_____NO CORRECTIONS

10

11

_____CORRECTIONS ATTACHED

12

13

E. FLETCHER EYSTER, M.D.

14

15

16

DATE SIGNED

17

18

--oOo--

19

20

21

22

23

24

25

1 DEPONENT'S CHANGES OR CORRECTIONS

2 DEPONENT: E. FLETCHER EYSTER, M.D.

CASE: AVENI vs. MINI, et al.

3 Job No: 15-5373

Date of Deposition: March 31, 2015

4

NOTE: If you are adding to your testimony, print the exact words you want to add. If you are deleting from your testimony, print the exact words you want to delete. Specify with "add" or "delete" and sign this form.

7

PAGE	LINE	CHANGE/ADD/DELETE
8	_____	_____
9	_____	_____
10	_____	_____
11	_____	_____
12	_____	_____
13	_____	_____
14	_____	_____
15	_____	_____
16	_____	_____
17	_____	_____
18	_____	_____
19	_____	_____
20	_____	_____
21	_____	_____

22 I hereby certify that I have read my deposition transcript, made those changes and corrections that I deem necessary, and approve the same as now true and correct.

24 Deponent's Signature: _____ Date _____

1 CERTIFICATE OF CERTIFIED SHORTHAND REPORTER

2 I, KAREN FORSTER, a Certified Shorthand
3 Reporter, licensed by the State of California, being
4 empowered to administer oaths and affirmations pursuant
5 to Section 2093(b) of the Code of Civil Procedure, do
6 hereby certify:

7 That the witness named in the foregoing
8 deposition was present at the time and place specified;

9 That the witness was by me sworn to testify
10 the truth, the whole truth and nothing but the truth;

11 That the said proceeding was taken before me
12 in shorthand writing, and was thereafter transcribed,
13 under my direction, by computer-assisted transcription;

14 That the foregoing transcript constitutes a
15 full, true and correct record of the proceedings which
16 then and there took place;

17 That I am a disinterested person to the said
18 action;

19 IN WITNESS WHEREOF, I have hereunto subscribed
20 my signature on this 2nd day of April, 2015.

21
22
23
24 _____
KAREN FORSTER, RPR
California CSR # 8691

L.J. HART & ASSOCIATES, INC.
BARRON & RICH
Certified Shorthand Reporters
1900 Point West Way, Suite 277
Sacramento, California 95815
916.922.9001 fax: 916.922.3461

April 2, 2015

Job No. 15-5373

E. FLETCHER EYSTER, M.D.
87 Scripps Drive, Suite 116
Sacramento, California 95825

--o0o--

Re: AVENI vs. MINI, et al.
Date taken: March 31, 2015

--o0o

Dear Dr. Eyster:

Your deposition in the above matter is now available at this office for you to read, correct and sign for 30 days from the date of this letter. You may wish to discuss with your attorney whether he/she requires that it be read, corrected, if necessary, and signed. Please call for an appointment within the next 30 days on any weekday, between the hours of 9:00 a.m. and 3:00 p.m.

If you do not desire to read your deposition and wish to waive signature, please sign your name and date below, and return this letter to our office.

SIGNATURE

DATE

Sincerely,

L.J. HART & ASSOCIATES, INC.
BARRON & RICH

Certified Shorthand Reporters
cc: S. DAVID ROSENTHAL, Attorney at Law
KEITH R. PAWLOSKI, Attorney at Law
RONALD R. HAVEN, Attorney at Law

L.J. HART & ASSOCIATES, INC.
BARRON & RICH
Certified Shorthand Reporters
1900 Point West Way, Suite 277
Sacramento, California 95815
916.922.9001 fax: 916.922.3461

April 2, 2015

Job No. 15-5373

ROSENTHAL & KREEGER, LLP
ATTN: S. DAVID ROSENTHAL, Attorney at Law
2251 Douglas Boulevard, Suite 120
Roseville, California 95661

Re: AVENI vs. MINI, et al. --o0o
Deposition of: E. FLETCHER EYSTER, M.D.
Date taken: March 31, 2015

--o0o

Dear Mr. Rosenthal:

We wish to inform you of the disposition of this original transcript. The following procedure is being taken by our office.

- _____ The witness has read and signed the deposition. (See attached.)
- _____ The witness has waived signature.
- _____ The time for reading and signing has expired.

- _XXX_ The sealed original deposition is being forwarded to your office.
- _XXX_ Other. (Due to trial date of April 6, 2015)

Sincerely,

L.J. HART & ASSOCIATES, INC.
BARRON & RICH
Certified Shorthand Reporters

cc: RONALD R. HAVEN, Attorney at Law
KEITH R. PAWLOSKI, Attorney at Law